

**In The Matter Of:**  
*IN RE: ASARCO LLC, ET AL v.*

---

*PERRELL, WILLIAM*  
*November 19, 2012*

---

**MERRILL CORPORATION**

**Legalink, Inc.**

2100 Third Avenue North  
Suite 960  
Birmingham, AL 35203  
Phone: 205.251.4200  
Fax: 205.252.5644



WILLIAM PERRELL - 11/19/2012

1 A P P E A R A N C E S :

2

3 ATTORNEYS FOR THE ASARCO ASBESTOS PERSONAL INJURY  
4 SETTLEMENT TRUST:

5

6 JACOB L. NEWTON

7 SANDER L. ESSERMAN (via telephone)

8 Attorneys at Law

9 Stutzman, Bromberg, Esserman & Plifka

10 2323 Bryan Street, Suite 2200

11 Dallas, Texas 75201-2689

12 newton@sbep-law.com

13

14 KENNETH R. MEYER

15 Attorney at Law

16 Porzio, Bromberg & Newman

17 100 Southgate Parkway

18 Morristown, New Jersey 07962-1997

19 krmeyer@pbnlaw.com

20

21 ALSO PRESENT:

22 TOM WODTKE, Videographer

23

## WILLIAM PERRELL - 11/19/2012

## 1 EXAMINATION INDEX

2

WILLIAM PERRELL

3

BY MR. MEYER

6

4

5

## EXHIBIT INDEX

6

MAR

## Deposition

7	1	Meyer - affidavit	5
8	1A	Cohen - affidavit	5
9	1B	Sales Chart Volume I	5
10	1C	Sales Chart Volume II	5
11	1D	Database printout Volume I	5
12	1E	Database Printout Volume II	5
13	1F	CD	5
14	1G	List of database fields	5
15	2	Institutional memo	5
16	3	'68-'74 Market share	5
17	4	'80 Market share	5
18	5	No Sales Affidavit	5
19	6	No Sales Affidavit	5
20	7	No Sales Affidavit	5
21	8	Closure Unit bulletin	5
22	9	Customer cards, sample	5
23	10	Customer cards, sample	5

WILLIAM PERRELL - 11/19/2012

1	11	Customer cards, sample	5
2	12	List of Cutomer card codes	5
3	13	List of unknown codes	5
4			
5			
6			
7			
8			
9			
10			
11			
12			
13			
14			
15			
16			
17			
18			
19			
20			
21			
22			
23			

WILLIAM PERRELL - 11/19/2012

1 (Proceedings began, 02:32 p.m.)

2 (Deposition Exhibit Numbers 1-8  
3 were marked for identification.)

4 THE VIDEOGRAPHER: This begins videotape  
5 number one in the deposition of William  
6 Perrell, in reference, ASARCO, LLC, et al.,  
7 Case Number 05-21207.

8 We're on the record at 2:32 p.m. on  
9 November 19, 2012. The deposition is taking  
10 place at the offices of Bradley, Arant, 1819  
11 Fifth Avenue North, Birmingham, Alabama. The  
12 videographer is Tom Wodtke.

13 Would counsel please introduce  
14 yourselves and state whom you represent.

15 MR. MEYER: Kenneth R. Meyer, from  
16 Porzio, Bromberg, and Newman in Morristown,  
17 New Jersey representing the trustees of the  
18 ASARCO Asbestos Personal Injury Settlement  
19 Trust.

20 MR. NEWTON: Jacob Newton, from  
21 Stutzman, Bromberg, Esserman & Plifka here on  
22 behalf of the trust.

23 Sandy Esserman on the phone also

## WILLIAM PERRELL - 11/19/2012

1 representing the trust.

2 MR. MEYER: The witness' name is Bill  
3 Perrell.

4 THE VIDEOGRAPHER: I'm sorry. Would the  
5 reporter please swear in said witness.

6 WILLIAM PERRELL,  
7 Being first duly sworn, was examined and testified  
8 as follows:

9 EXAMINATION

10 BY MR. MEYER:

11 Q. Hello, Bill.

12 A. Hi, Ken.

13 Q. Just as a matter of housekeeping we  
14 marked several exhibits, and I'm going to reference  
15 some of them now. Some we'll go over with Bill and  
16 some we will not. But Exhibit --

17 MR. MEYER: Sandy, is that you? Are you  
18 back?

19 MR. Esserman: Yeah, I got cut off.

20 BY MR. MEYER:

21 Q. Exhibit 1 is an affidavit from me dated  
22 November 16, 2012 which essentially describes the  
23 process through which our firm and Jake Newton's

WILLIAM PERRELL - 11/19/2012

1 firm Stutzman, Bromberg, Esserman, and Plifka,  
2 drafted and redrafted and revised the CAPCO  
3 Institutional Memory Project Memo which has been  
4 designated as Exhibit 2. There are numerous  
5 exhibits to my affidavit, which are numbered  
6 Exhibit 1A through 1G.

7           And just for the record I'll run through  
8 what those are. 1A is an exhibit which is an  
9 affidavit of my partner, Roy Cohen, who was  
10 involved, as was I, in representing CAPCO through  
11 the mid '80s and on in asbestos litigation. And  
12 that affidavit describes the original process by  
13 which the CAPCO Institutional Memory Project Memo  
14 was drafted. Exhibits 1B and 1C are CAPCO sales  
15 records that are summarized in binders. We'll talk  
16 about those in the deposition. Those summaries  
17 were taken from something called CAPCO customer  
18 cards. Examples of which have been marked Exhibits  
19 9, 10, and 11.

20           Exhibits 1D and 1E are two large binders  
21 that contain printouts from a database that  
22 documents CAPCO sales by ship to, organized by the  
23 entity to which the sales were shipped. And that

WILLIAM PERRELL - 11/19/2012

1 database runs from 1987 through 1994. Exhibit 1F  
2 is a disk that contains the database of CAPCO  
3 sales, asbestos cement pipe sales from '87 through  
4 '94. And 1G is a list of fields that are contained  
5 in that database.

6 The rest of the exhibits I'll discuss as  
7 we go through the deposition.

8 So with that, Bill, let's just sort of  
9 go through the usual background type information  
10 that we do in these depositions. And fill us in,  
11 if you would, on your education and other  
12 professional background up until the time that you  
13 arrived at CAPCO.

14 A. I attended engineering school in  
15 Memphis, Tennessee at Christian Brothers College  
16 which is now Christian Brothers University. At the  
17 same time I was in flight school where I obtained a  
18 commercial pilot's license.

19 After joining CAPCO I attended several  
20 American Water Works schools, American Management  
21 schools which involved in sales management and  
22 selling and the law and the district sales  
23 managers. I attended various schools throughout

WILLIAM PERRELL - 11/19/2012

1 the time at CAPCO.

2 Q. So let's talk about your time at CAPCO.  
3 Can you just run through chronologically when you  
4 began and what various jobs you had and what  
5 responsibilities came with those jobs?

6 A. I joined CAPCO August 1st 1965 as a  
7 sales representative headquartered in Memphis,  
8 Tennessee. I was one of the original five salesmen  
9 that was hired by CAPCO when they established the  
10 company.

11 As our sales grew I became an area sales  
12 manager and hired salesmen to work the territory  
13 that I previously worked and expanded our territory  
14 into western. My first territory was west  
15 Tennessee, western Kentucky, and the state of  
16 Mississippi and the state of Arkansas. We had five  
17 salesmen that were scattered around the Southeast.  
18 I was the most western salesperson.

19 Then as we added salesmen, again, I said  
20 we were area sales managers. Then I was appointed  
21 assistant general sales manager. And then in 1974  
22 when ASARCO took sole ownership of CAPCO, I was  
23 appointed general sales manager and then July of

WILLIAM PERRELL - 11/19/2012

1 '75 transferred to headquarters in Birmingham,  
2 Alabama.

3 I headed up the sales force from '75 to  
4 '80. In 1980 I was named vice president of sales  
5 and continued to manage all the sales in the United  
6 States for CAPCO. And we increased our sales from  
7 the five original salesmen to 18 sales  
8 representatives, plus we marketed our pipe through  
9 Water Works distribution houses that were scattered  
10 around the United States.

11 Q. When did you stop -- cease to be an  
12 employee of CAPCO? Which I know is kind of a  
13 loaded question because there's activity beyond  
14 that.

15 A. Yeah. Well, what happened CAPCO was  
16 sold to the Westlake Corporation August 23rd,  
17 1994. I was asked at that time by ASARCO to stay  
18 and not go with the new company which I agreed to.  
19 At that time I established a one-man CAPCO office  
20 in Birmingham, Alabama. And for -- and from '94 to  
21 July of '95 I also consulted with the new company  
22 as their marketing manager to help them in the  
23 transition.



WILLIAM PERRELL - 11/19/2012

1 Q. Would you tell us what the business of  
2 CAPCO was?

3 A. CAPCO manufactured asbestos cement pipe,  
4 which is a nonmetallic pipe used for distribution  
5 in the water works industry. And they also  
6 produced some sewer pipe. But it's a nonmetallic  
7 pipe which is the alternative to cast iron or  
8 ductal iron pipe for municipal and rural water  
9 systems. It became very popular during World War  
10 II with the shortage of metal pipe because of the  
11 war effort. And at that time there was  
12 Johns-Manville, CertainTeed and Flintkote, were in  
13 the business. And we were the fourth company that  
14 joined the industry.

15 Q. And that was in '65?

16 A. 1965.

17 Q. Did CAPCO expand its product line beyond  
18 A/C pipe?

19 A. No. We manufactured only asbestos  
20 cement pipe and asbestos cement sewer pipe.

21 Q. Did CAPCO also manufacture PVC pipe at a  
22 time?

23 A. Yes, we sold other people's PVC pipe in

WILLIAM PERRELL - 11/19/2012

1 our first years, '65 to '70. In 1970 we  
2 established a new plant in Van Buren, Arkansas  
3 which manufactured asbestos pipe and PVC pipe.  
4 This was our first entry into the CAPCO owned PVC  
5 pipe.

6 Q. And the initial plant was in Ragland,  
7 Alabama?

8 A. The initial A/C plant was in Ragland,  
9 Alabama, which is about 40 miles east of  
10 Birmingham.

11 Q. Did the Ragland plant ever make anything  
12 other than asbestos cement pipe?

13 A. No. They only made A/C pipe.

14 Q. Could you take us, if you would, Bill,  
15 through the corporate history of CAPCO?

16 A. CAPCO or Cement Asbestos Products  
17 Company was formed in -- incorporated in 1963. And  
18 they were a joint venture between Woodward Iron  
19 Company which was a big company here in Birmingham,  
20 had 23 divisions. They were a very big ductal and  
21 cast iron and soil pipe producers.

22 Mr. William Bond was the president of  
23 Woodward Iron Company. He also sat on the board of

WILLIAM PERRELL - 11/19/2012

1 directors for ASARCO. So in joint meetings with  
2 ASARCO, they decided to get into the distribution  
3 system which was gaining strength in the rural  
4 water markets and the municipal markets with  
5 asbestos cement pipe. So they formed a joint  
6 venture of 60 percent owned by Woodward Iron and 40  
7 percent owned by ASARCO.

8 ASARCO's interest was that they owned  
9 the asbestos mines in Quebec, Canada. And Woodward  
10 Iron owned National Cement Company here in the  
11 Birmingham area. That's why the Ragland plant was  
12 built adjacent to National Cement Company in  
13 Ragland, Alabama. The thinking was that they could  
14 blow pipe -- blow the cement mix over to the  
15 plant. And that was the -- the history between  
16 CAPCO and Woodward, history with Woodward Iron and  
17 ASARCO.

18 Then as the market grew we would produce  
19 35,000 tons of A/C pipe in the Ragland, Alabama  
20 plant. And the market grew and CAPCO grew with  
21 it. And the decision was made to build a plant  
22 west of the Mississippi River. At that time ASARCO  
23 was on board with that, but they wanted a little

WILLIAM PERRELL - 11/19/2012

1 more of the percentages so they increased the  
2 percent from 49 percent ASARCO to 51 percent  
3 Woodward Iron.

4           The plant then was built in Van Buren,  
5 Arkansas to expand our markets into the West as far  
6 as the West Coast. Our primary markets right in  
7 the early '70s were Texas and the Southwest. And  
8 we did progress further south into the Rocky  
9 Mountain area and into the West Coast market.

10           Then in 1974 CAPCO became a solely owned  
11 company of ASARCO, a division of ASARCO, subsidiary  
12 of ASARCO. And that was at the time that I  
13 transferred to Birmingham, Alabama. And ASARCO  
14 became our full ownership partner, parent company.

15           Q.     Did the name of the company change over  
16 time?

17           A.     Yes. We started out as Cement Asbestos  
18 Product Company. But right away we shortened it to  
19 CAPCO. It was just a mouthful. Just as  
20 Johns-Manville was called JM. We were referred to  
21 early on as CAPCO. And then in 1980 our name was  
22 changed from Cement Asbestos Products Company to  
23 CAPCO Pipe Company.

WILLIAM PERRELL - 11/19/2012

1 Q. Could you talk a little bit, if you  
2 would, about the PVC end of the business, what  
3 plants ASARCO had that made PVC?

4 A. Well, the reason we got into the PVC  
5 business was our competitors are CertainTeed  
6 produce A/C pipe and PVC pipe, packaged it.  
7 Johns-Manville produced A/C pipe and PVC pipe, and  
8 they packaged it.

9 We had to have the same package to offer  
10 to customers that JM and CertainTeed would have.  
11 So prior to owning our own we did market other  
12 companies' PVC pipe to package with our A/C pipe.  
13 Then when we built our own plant in Van Buren, we  
14 also acquired a plant in Evansville, Indiana, a PVC  
15 pipe plant, and also a PVC pipe plant in  
16 Litchfield, Illinois. So we ended up with the two  
17 A/C pipe plants and the three PVC pipe plants.

18 MR. NEWTON: Just to clarify, you said  
19 PVC plants that ASARCO had. You meant plants  
20 that CAPCO --

21 MR. MEYER: I'm sorry. I meant, CAPCO  
22 had, I apologize. Yes.

23 MR. NEWTON: I just wanted the record --

WILLIAM PERRELL - 11/19/2012

1 A. They were CAPCO owned PVC pipe plants.

2 Q. When the sales of PVC assets was made to  
3 Westlake in 1994, which plants were still in  
4 operation as part of that sale?

5 A. When the sale took place on August 23rd  
6 1994, the Van Buren plant was producing PVC pipe,  
7 Evansville and Litchfield were producing PVC pipe.  
8 They wanted the three PVC plants. They had no  
9 interest in the asbestos cement pipe business.  
10 Westlake were a very large PVC pipe company in this  
11 country and also in Taiwan, and they were very big  
12 in raw material of PVC.

13 Q. When did CAPCO stop manufacturing  
14 asbestos cement pipe?

15 A. We produced our last asbestos cement  
16 pipe in September of 1993.

17 Q. And when did the last sales of asbestos  
18 cement pipe take place?

19 A. The last sales took place in 1993, and  
20 there could have been some small sales. They were  
21 out of inventory on into the first part of January,  
22 February '94. But the main shipments were shipped  
23 out in 1993.

WILLIAM PERRELL - 11/19/2012

1 Q. And while it's not our purpose to really  
2 ask questions about PVC, just sort of one little  
3 area of inquiry. Can you tell us about with  
4 respect to the three CAPCO plants that made PVC  
5 pipe, how far typically would the sales be made?  
6 What was the distribution -- the area of  
7 distribution for those three plants?

8 A. It was possibly that -- you could not  
9 ship PVC too far because it was freight sensitive.  
10 So 3 to 400 miles was maximum that you would market  
11 your pipe from a given plant.

12 Q. Over the course of the last couple of  
13 months we spent a fair amount of time talking back  
14 and forth between -- you and I and Jake and I, in  
15 an effort to finalize for the purposes of this  
16 proceeding, what we've called the CAPCO  
17 Institutional Memory Project Memo which we've  
18 marked as Exhibit 2. Is this a document that  
19 you've read, read more than once?

20 A. Yes, I've read it several times.

21 Q. And you were involved in editing and  
22 drafting, correcting, authenticating and validating  
23 that document?

WILLIAM PERRELL - 11/19/2012

1           A.       Yes, I did. I edited it and returned it  
2 back to your office, and this is the final version  
3 here.

4           Q.       And is that a document that you're  
5 satisfied is, in fact, authentic and accurate?

6           A.       Yes.

7           Q.       Is the information in that document  
8 based upon your personal knowledge and your review  
9 of documents and interactions between you and our  
10 firm with respect to documents that we have and  
11 reviewed?

12          A.       Yes, it is.

13          Q.       As part of that document then, an  
14 exhibit to that document, we have a number of  
15 different sales records. And I just want to get  
16 into more detail on these later. For example, 1B  
17 which says CAPCO Sales Volume One, is that a  
18 document with which you're familiar?

19          A.       Yes, it is.

20          Q.       And were you involved also in putting  
21 together the information in that document?

22          A.       Yes, I am.

23          Q.       What role did you play in that record?

WILLIAM PERRELL - 11/19/2012

1           A.       I worked very closely with your office  
2 in reviewing documents that you sent -- sent  
3 documents back and forth and corrected and edited.  
4 But I'm very familiar with everything that we put  
5 in here.

6           Q.       Are you satisfied that the contents of  
7 Exhibits 1B and 1C are true and accurate to the  
8 extent that the underlying records support them?

9           A.       Yes, I am.

10          Q.       And some of the information in that is  
11 also information that you put together by virtue of  
12 your personal knowledge too, correct?

13          A.       Yes, it is.

14          Q.       We'll get back to this later when we're  
15 talking more about sales.

16                    Let's talk a little bit now, if you  
17 could, about -- let me ask you something first.  
18 Did CAPCO have registered trademarks?

19          A.       Yes, they had -- the name Permaflex was  
20 one of them. Of course registered CAPCO, the logo  
21 CAPCO. But the Permaflex was the name of our  
22 gasket. Our gaskets were manufactured by a  
23 division of Woodward Iron Company called Murray

WILLIAM PERRELL - 11/19/2012

1 Rubber Company in Houston, Texas. And they're a  
2 synthetic rubber, SBR rubber, which is styrene  
3 butadiene rubber. And they were manufactured  
4 originally just by Murray. And then when Murray  
5 was sold, we went on the open market and bought  
6 from two or three other manufacturers, but they  
7 still used our molds and made the gaskets just for  
8 us.

9 Q. What function did the gasket serve?

10 A. Well, asbestos cement pipe is  
11 manufactured at a single length of 13 foot pipe.  
12 And couplings join it made out of the same material  
13 as the pipe, asbestos cement. And the coupling has  
14 two grooves for gaskets, and one coupling is  
15 installed at the factory. And the gasket for the  
16 other side of that coupling is shipped on to the  
17 job site with the pipe in burlap bags and also  
18 lubricant to lubricate the gasket when it's  
19 assembled in the field.

20 Q. Did the Permaflex gaskets contain  
21 asbestos?

22 A. No.

23 Q. CAPCO -- one of the products CAPCO

WILLIAM PERRELL - 11/19/2012

1 manufactured was asbestos cement underground water  
2 pressure pipe, correct?

3 A. Yes.

4 Q. Can you describe what that is and what  
5 the process was in manufacturing it?

6 A. Well, we manufactured three classes of  
7 asbestos cement water pipe; class 100, class 150,  
8 and class 200. And those are test pressures. The  
9 predominant pipe class that's used in the United  
10 States Municipal Water Systems was class 150. That  
11 means it would work with an operating pressure up  
12 to 150 psi. The pipe is tested at three and a half  
13 times its working pressure. So a class 150 pipe  
14 would be tested at 525 psi. Every single joint of  
15 pipe is tested before it leaves the factory.

16 The class 100 was for systems that might  
17 have a lower pressure system. The class 200 would  
18 be for systems where you get higher pressures which  
19 would be maybe in the mountainous areas where you  
20 would have a fluctuation of pressures from the  
21 terrain.

22 Q. How is that pressure testing done?

23 A. It would be by water pressure would be

WILLIAM PERRELL - 11/19/2012

1 pumped into the pipe and be sealed at both ends.  
2 And it would be taken to 525 psi, if that was the  
3 test pressure for 150, and held for three seconds.  
4 If it did not make that, the pipe would burst right  
5 there in the factory. So every single piece of  
6 pipe that was shipped out of the plant, Ragland and  
7 Van Buren, passed the required ASTM and AWWA  
8 pressure test.

9 Q. What use was the CAPCO underground water  
10 pressure pipe, A/C water pressure pipe, put to?

11 A. What use?

12 Q. Yes.

13 A. Mostly distribution systems in a city.  
14 But also in rural water systems, one reason that  
15 A/C became so popular is the Farmers' Home  
16 Administration decided that everyone should have  
17 good clean water throughout the country, not only  
18 the cities. So they instituted a loan program  
19 through the federal government where they finance  
20 county water systems there. It would be not  
21 unusual to have one hundred miles of A/C pipe in  
22 one order. It was a nice order. But it was a good  
23 order.



WILLIAM PERRELL - 11/19/2012

1 16 inch. And at the Van Buren, Arkansas plant we  
2 added to those diameters. We added the 18, 20, and  
3 24 inch distribution pipe.

4 Q. We hear about underground water pressure  
5 pipe that's transmission pipe and distribution  
6 pipe. What's the difference between those two?

7 A. Well, the difference, the distribution  
8 pipe as we talked about is for municipal water  
9 systems, cities such as Houston, San Antonio,  
10 various cities, Denver. But transmission pipe was  
11 a different kind of asbestos cement pipe. It's  
12 called "T pipe." And that's for the transmission.  
13 And that means to take water from point A to point  
14 B with no connections along the way. And this was  
15 used primarily by the Bureau of Reclamation in the  
16 West in the Indian reservations and to all the  
17 public lands in the West that they would put  
18 irrigation water to.

19 A lot of times these systems would be  
20 shut down during the winter. And the reason you  
21 would have the different classifications, we would  
22 go from a T-30, and again that would designate the  
23 test pressure, T-35, T-40, on up to a T-90, and it

WILLIAM PERRELL - 11/19/2012

1 would be not uncommon for one project of T pipe to  
2 have several classifications of T pipe going from A  
3 to B depending on the terrain.

4           So the Bureau of Reclamation designed  
5 their own projects. And they were very  
6 conscientious cost-wise. And if they could use a  
7 T-30 or a T-35 pipe, they would. But if they were  
8 going up hills or down hills in the mountains in  
9 Colorado, for instance, they could end up using  
10 T-60 or T-70, which would be higher pressures they  
11 would encounter in the valleys.

12           Q.       What was the Bureau of Reclamation?

13           A.       The Bureau of Reclamation is an agency  
14 of the federal government that furnished the water  
15 projects in the Western states; in Arizona,  
16 Colorado, Utah, where most -- their bigger  
17 projects. They would also be up in the Midwest.  
18 They would go up in Montana. They would go to  
19 Wyoming. But Denver and Arizona were probably the  
20 biggest Bureau of Reclamation markets.

21           Q.       And CAPCO sold to those markets?

22           A.       Yes. The Bureau was one of the largest  
23 A/C customers in the United States.

WILLIAM PERRELL - 11/19/2012

1 Q. And CAPCO made both transmission and  
2 distribution pipe?

3 A. Yes, we did.

4 Q. Did CAPCO make pipe that would run from  
5 a line in the street to a house?

6 A. No.

7 Q. Could you explain why CAPCO's pipe could  
8 not be used for that purpose?

9 A. That would be a house connection pipe.  
10 It would normally be a three-quarter inch. It  
11 could go up if it was an apartment complex to an  
12 inch and a half. It was usually a PVC or a  
13 polyethylene or a copper pipe. It would take off  
14 from the main line of the asbestos cement pipe  
15 through what's called a "corporation cock," which  
16 would tap into the pipe, and connect the service  
17 pipe. And that's what that pipe was called. It's  
18 called a service pipe because it serves the house.  
19 So we did not furnish that at all. We take the  
20 pipe through the street, and a plumbing contractor  
21 then would connect from our pipe to the house  
22 through the service pipe.

23 Q. We talked just a little bit about the

WILLIAM PERRELL - 11/19/2012

1 market share that CAPCO had in the underground  
2 water pressure pipe. And I think Exhibit 2  
3 discusses that topic on pages, I think, six or  
4 seven. Could you either with the help of that or  
5 not give us an idea of what type of market share  
6 CAPCO had in the underground water pressure pipe?

7 A. Well, in the early years we had maybe  
8 10, 12 percent of the market. Johns-Manville had  
9 the largest share. They had the most manufacturing  
10 facilities. They would maybe enjoy 60 percent of  
11 the market. We would enjoy maybe 10 or 11 percent  
12 of the market with our one plant in Alabama in our  
13 early years. There was another small manufacturer,  
14 Flintkote, that also shared about 10 percent of the  
15 market. They were a licensee of Johns-Manville.

16 Q. And when you say early years, what  
17 general time frame?

18 A. From '60 to '70 where we had just the  
19 one plant capacity which was just 35,000 ton max of  
20 A/C pipe production.

21 Q. And how did the market share just in  
22 general terms shift from that time forward?

23 A. Well, when we built the plant in Van

WILLIAM PERRELL - 11/19/2012

1 Buren, Arkansas we now had the capacity for 50,000  
2 tons of pipe. And we expanded our market west.  
3 And the CAPCO name was getting more and more  
4 recognized throughout the market in the water works  
5 industry. We became an accepted supplier. And we  
6 through the first years we obtained all the  
7 required specifications and authorizations from the  
8 federal government. And so we were an equal as  
9 Johns-Manville would not like to say -- but we were  
10 an equal supplier to Johns-Manville and CertainTeed  
11 and Flintkote in the market, the water works  
12 market. We were members of the American Water  
13 Works Association which was referred to as AWWA.  
14 And we were members of the ASTM, American Testing  
15 of Standard Materials. [sic]

16 So we were a player in the market, and  
17 we made a very safe, very good product.

18 Q. I want to show you Exhibit 3, Bill,  
19 which is a document that appears to me anyway to be  
20 a CAPCO document and ask you if that's a document  
21 that you recognize.

22 A. Yes.

23 Q. And does that document discuss CAPCO's

WILLIAM PERRELL - 11/19/2012

1 percent of the pressure pipe market?

2 A. Yes. Yes, this is -- this was produced  
3 in-house by CAPCO. And it shows our production  
4 through the years from '68 through 1974. And of  
5 course in our very first years we started out 5 or  
6 6 percent of the market. And by '74 we were 11  
7 percent of the market, and I think later on in the  
8 '80s we ended up with 20 percent of the asbestos  
9 cement pipe market.

10 Q. There was a time when CAPCO was the sole  
11 manufacturer in the United States of asbestos  
12 cement pipe?

13 A. Yes.

14 Q. Can you just tell us sort of when the  
15 companies went out of business and CAPCO's shares,  
16 therefore, increased?

17 A. Sure. Flintkote was the first to shut  
18 down. Again, they were a licensee of  
19 Johns-Manville. And they were located in Ravenna,  
20 Ohio. And they had one machine producing 13 foot  
21 asbestos cement pipe. They shut down, again, in  
22 '76.

23 Then as the market changed over the

WILLIAM PERRELL - 11/19/2012

1 years, the various plants were being encroached by  
2 PVC pipe, ductal iron pipe, produced a very thin  
3 wall ductal iron which became more competitive with  
4 our larger diameter asbestos cement pipe.

5 Long Beach, Johns-Manville closed their  
6 Long Beach, California plant in the early '80s.  
7 They closed their Stockton, California plant in the  
8 '80s. CertainTeed started closing plants. In  
9 Ambler, Pennsylvania they had a plant that closed.  
10 Johns-Manville closed Manville, New Jersey. And as  
11 these plants closed, we were determined to keep our  
12 A/C plants operating and keep our market alive.  
13 And we moved into these territories. And as a  
14 distributor who was solely a Johns-Manville  
15 distributor -- for instance, in New Jersey, Brent  
16 Material in East Orange [sic], New Jersey would  
17 contact us and say, hey, I have a market here for  
18 A/C pipe. And we would -- in fact, I hired a man  
19 just to market along the Eastern Seaboard. I hired  
20 John Precheck. And his territory was from New  
21 Jersey all the way up to Maine. And we picked up  
22 the slack in that market area with the  
23 Johns-Manville plant closed in Manville, New Jersey

WILLIAM PERRELL - 11/19/2012

1 and when CertainTeed closed their Ambler,  
2 Pennsylvania plant.

3 And we ended up with markets in Long  
4 Island which we had never had before, Boston along  
5 the Seaboard, sold a little bit of pipe in  
6 Connecticut and Maryland. And we keep that going  
7 for a few years.

8 Q. So the --

9 A. Our effort was to keep our plants  
10 operating.

11 Q. So the CAPCO market percentage  
12 increased, but the overall A/C market percentage of  
13 pressure pipe decreased?

14 A. It shrunk, yes, it did.

15 Q. Let me show you what we've marked as  
16 Exhibit 4, which is another CAPCO document that  
17 talks, again, about market share and ask if you  
18 could tell us what that is.

19 A. This is, again, in-house document that  
20 was prepared in 19 -- the end of 1980. It covered  
21 January through December 1980. And it reported  
22 pipe sales by the districts, and the districts are  
23 the areas that are designated by the United States

WILLIAM PERRELL - 11/19/2012

1 government which consist of what's the Northeast,  
2 the Mid-Atlantic, the East North Central, and the  
3 West North Central, the South Atlantic, East South  
4 Central, West South Central, Mountain and Pacific.  
5 And this report would show for the year of 1980 how  
6 many tons of CAPCO asbestos cement pipe was shipped  
7 to each region.

8           And we shipped 53,500 tons of A/C pipe  
9 during that year of 1980. The industry shipped  
10 250,000 tons. It would depend on the areas where  
11 we were most active. South Atlantic we had 21  
12 percent of the market. East South Central, 56  
13 percent of the market. West South Central, 29  
14 percent of the market. And the Northeast where  
15 there's not that large an asbestos cement pipe  
16 market, we ended up with about 7 percent, 6.8.

17           So '80, '80 was a good year for the  
18 industry. But in 1978 the industry sold one  
19 million tons of asbestos cement pipe in the United  
20 States. And then the market started going down as  
21 PVC was developing a municipal water pipe to  
22 compete in the municipal markets called C-900.  
23 Again, as I mentioned, ductal iron thinned down

WILLIAM PERRELL - 11/19/2012

1 their wall so they could compete in the 16 to 20  
2 inch market. And they just hammered us from both  
3 ends, not to mention the federal government on the  
4 asbestos issue.

5 Q. So '78 was the --

6 A. '78.

7 Q. -- high mark for sales?

8 A. Yeah, it was a great year.

9 Q. Let's talk about irrigation pipe. Did  
10 CAPCO make irrigation pipe?

11 A. Yes, we made it for a few customers, the  
12 largest being a company in Arkansas, Stuttgart,  
13 Arkansas called Shirkey-Cox, was the name of the  
14 company. And it was a special pipe made just for  
15 the irrigation market. It was -- we would produce  
16 it as class 2400 sewer. That was the lightest pipe  
17 we would make in those diameters. It had to be  
18 light because they would move it -- they would move  
19 it from trench to trench from season to season.  
20 They could relocate the pipe. This pipe was laid  
21 in a shallow trench. And they would attach what  
22 they referred to in the industry as an alfalfa  
23 valve. And that alfalfa valve would sit on top of

WILLIAM PERRELL - 11/19/2012

1 the pipe at an intersection. And as the pipe would  
2 -- water would go through the pipe, the pipe would  
3 come up through the alfalfa valve and flood -- in  
4 Stuttgart it was rice paddies. That was their  
5 market. And they would get two crops a year. And  
6 it would be not unusual for Shirkey-Cox to salvage  
7 pipe from area A to go to area B. And they would  
8 be subsidized by the federal government on just how  
9 much they could spend per year on irrigation  
10 projects. But that was -- we sold some other  
11 areas, but Stuttgart in the rice fields were our  
12 big market for irrigation pipe.

13 Q. I'm sure you said this, but where was  
14 Stuttgart?

15 A. Arkansas.

16 Q. Arkansas. How much of CAPCO's asbestos  
17 cement pipe manufacturing was irrigation pipe?

18 A. That would be less than 1 percent.

19 Q. CAPCO also made underground sewer pipe?

20 A. Yes.

21 Q. What distinguished the sewer pipe from  
22 the pressure pipe and the irrigation pipe?

23 A. Well, the sewer pipe specifications were

WILLIAM PERRELL - 11/19/2012

1 different from pressure pipe. Sewer pipe would be  
2 tested only by crush strength, where pressure pipe  
3 we had the hydrostatic pressure on the water pipe.  
4 Sewer pipe was identified by the classifications  
5 2400, 3300, 4,000 and 5,000. And that would mean  
6 that in a V block crush test, which you do in the  
7 plant, that they would take a sample of pipe, and  
8 they would crush a 12-inch section. And the  
9 initial crack had to occur above the test pressure  
10 you were testing. So if you had the initial crack  
11 at 2390 it failed.

12           We always made our pipe a little bit  
13 heavier because our manufacturing process we could  
14 not control the OD exactly with the two felt  
15 process as Johns-Manville could with one felt and  
16 roller. So our pipe would usually -- always -- for  
17 instance, our 2400, it would be not unusual for our  
18 2400 to test at 3,000. But it was strictly a crush  
19 test. It was not an AWWA test. It was an ASTM  
20 test. American Water Works would have no interest  
21 in sewer pipe.

22           But, again, the sewer pipe market was  
23 not a very attractive market for us. We

WILLIAM PERRELL - 11/19/2012

1 manufactured sewer pipe for -- one, for instance,  
2 if we had a customer that bought a lot of water  
3 pipe and had to have sewer pipe, we would produce  
4 sewer pipe, or a distributor that had a market  
5 where he had sold -- had to sell A/C sewer pipe.

6 For instance, at Brent Material in  
7 Orange -- East Orange [sic], New Jersey. He had to  
8 have sewer pipe. He couldn't live without it. So  
9 he would buy a truckload of water, but he might buy  
10 four truckloads of sewer. It was just native to  
11 that portion of New Jersey and also Long Island.  
12 He would sell pipe on Long Island. And they used  
13 asbestos in that sewer pipe. But it was never a  
14 major part of our product mix.

15 Q. What percentage of your market, of the  
16 underground sewer pipe market, did CAPCO have?

17 A. Well, of the total market we probably  
18 had less than 3 percent.

19 Q. And were there -- and this is discussed  
20 in the memo, the memo here that's marked as Exhibit  
21 2. But were there other areas of the country where  
22 CAPCO was really compelled to provide A/C sewer  
23 pipe in order to gain access to the pressure pipe

WILLIAM PERRELL - 11/19/2012

1 market?

2 A. Yeah. For instance, Detroit wanted to  
3 use asbestos cement sewer pipe. So in these  
4 areas -- and Austin, Texas would be one. Again, I  
5 mentioned New Jersey. There were some areas where  
6 -- and this was when we were working through  
7 distributors. Say, look, I'm losing water pipe  
8 business if I don't have some sewer pipe to go with  
9 it. So we would make it.

10 Now, the one thing that would control  
11 how much sewer pipe we would make would be how many  
12 fittings were involved in it. Because fittings  
13 were very difficult and time consuming to make.  
14 They were cut by hand and they were glued. We were  
15 not equipped like a Johns-Manville plant would be  
16 that specialized in that. But we would make them.  
17 But, again, we would make them just for certain  
18 customers that had to have the sewer pipe or  
19 customers that sold a lot of our water pipe that we  
20 catered to, and we'd make sewer and even make the  
21 fittings for them.

22 Q. There are also uses for asbestos cement  
23 pipe that are, I guess what I'll call indoor uses,

WILLIAM PERRELL - 11/19/2012

1 flue pipe, vent pipe, electrical conduit, that type  
2 of thing. Did CAPCO make asbestos cement pipe for  
3 those type uses?

4 A. No. No, we never made pipe for those  
5 uses for two reasons. Those pipes were a very thin  
6 wall asbestos cement pipe. Our equipment could not  
7 manufacture that type of pipe. And the  
8 specifications, our pipe would not be approved.  
9 Those were Underwriter Laboratory specifications  
10 for an in-house use for electrical duct, pipes like  
11 that that a company like Johns-Manville would  
12 specialize in. And they had the equipment that  
13 would make it. And we could not produce that.

14 And if we did and a contractor tried to  
15 put our pipe on one of those projects, he would be  
16 rejected by the inspector because we did not meet  
17 the specifications. And a contractor would not  
18 want to use ours because it would be twice as heavy  
19 as what he could buy from someone else.

20 So we never, never were in that market.

21 Q. What does the term "Transite" pipe mean?

22 A. Transite is what Johns-Manville called  
23 their asbestos cement pipe, and it was derived from

WILLIAM PERRELL - 11/19/2012

1 the very first product Johns-Manville made was the  
2 floorboards for streetcars. And that's where the  
3 transit came from and turned into Transite. And  
4 that was -- just as we were CAPCO, they were  
5 Transite. And everyone in the market in the United  
6 States referred to A/C pipe as Transite. We would  
7 get a call, hey, do you guys sell Transite pipe?  
8 Yeah, ours is called CAPCO. But it's -- it is the  
9 plain vanilla name for A/C pipe in the industry.

10 Q. Did CAPCO ever manufacture pipe that  
11 could be used at nuclear power plants?

12 A. No. And, again, the reason was it had  
13 to be special pipe. But along with selling the  
14 pipe -- and in one of those cooling towers there  
15 would be as much as 30,000 feet of pipe in one  
16 cooling tower. But, also, you had to sell the  
17 four-by-eight asbestos cement sheets that would go  
18 along that they would have to hang from the pipes  
19 in the cooling towers. And Johns-Manville was the  
20 only company that made the sheets.

21 So it was something we were locked out  
22 of. We bid on one or two and were never  
23 successful. And we bid just the pipe. And of

1 course, we'd lose out because we didn't offer the  
2 sheets.

3 Q. Is it your understanding that the  
4 nuclear power plant market was exclusively that of  
5 Johns-Manville?

6 A. Yes, it is, because of the combination  
7 of the sheets and the pipe.

8 Q. And in terms of the other indoor pipe  
9 uses, Johns-Manville you said made that type of  
10 pipe. Did any other companies make that type pipe?

11 A. CertainTeed may have made some of the  
12 duct work, smaller duct work, thin wall pipe. But  
13 they would have the same problem we would because  
14 our process was the same. Two felt process would  
15 not make the thin wall, thin wall pipe.

16 Q. What were the components of CAPCO's  
17 asbestos cement pipe?

18 A. You had Portland cement. You had  
19 silica -- some refer to it as silica flour because  
20 it has the consistency of baking flour -- and  
21 asbestos fibers. You have chrysotile and  
22 crocidolite, two types of fiber that would be used  
23 in the blend. And it would depend on the

WILLIAM PERRELL - 11/19/2012

1 classification of pipe you were making as to what  
2 recipe you might -- what recipe you would use to  
3 build that particular class of pipe.

4 Q. What length pipe did CAPCO make?

5 A. CAPCO made 13 foot pipe. And it's  
6 because all of the machines are European design and  
7 that's four meter pipe. So our machine was a four  
8 meter pipe machine. And that's 13 foot. And that  
9 was standard in the industry.

10 Q. So all of CAPCO's -- all of the pipe --  
11 strike that.

12 All of the asbestos cement pipe that  
13 CAPCO manufactured was 13 foot length?

14 A. Yes.

15 Q. Did other companies make different  
16 lengths?

17 A. Johns-Manville made 13 foot length pipe  
18 and ten foot length pipe.

19 Q. And what was the purpose for the ten  
20 foot length pipe?

21 A. Well, they had ten foot machines, and  
22 they would have a market that they would promote  
23 the ten foot as being superior to 13 foot as far as

WILLIAM PERRELL - 11/19/2012

1 beam strength. It was just a marketing tool.  
2 Again, they were three meter machines. Again, all  
3 the machines, even our latest machine that was  
4 built in Van Buren, Arkansas was from Europe. They  
5 were all built on a metric scale.

6 Q. Let's talk about shipping of CAPCO  
7 pipe. In general terms how did CAPCO ship its  
8 asbestos cement pipe?

9 A. Well, most all of our pipe was shipped  
10 by common carrier truck. At times we would  
11 utilized rail shipments. We shipped some rail pipe  
12 from both plants, but we found out that the term  
13 they used in the railroad industry is "humping  
14 cars." And we would find out that if we're  
15 shipping from Ragland to the West Coast, they're  
16 going through El Paso. And we get 20 percent or  
17 more breakage. It was early on that we decided we  
18 would pay a little bit more freight and ship common  
19 carrier truck. And they would be shipped on  
20 flatbed trucks. And they would be shipped on  
21 pallets. And they would be strapped down with the  
22 wire strapping. And later on the trucking  
23 companies used a belt type strap. But they were

WILLIAM PERRELL - 11/19/2012

1 shipped palletized and locked with wood and shipped  
2 common carrier.

3 Q. And how much -- and obviously this would  
4 vary by the different size pipe. But how much in  
5 general terms from different pipe to different pipe  
6 could fit on a truck?

7 A. For instance, six inch A/C pipe you  
8 would get 3,000 feet of pipe on a truckload on a  
9 40-foot flatbed. Eight inch, you get 2,000 feet.  
10 And ten inch you get about 1,400 feet. And then it  
11 would thin down the bigger the diameters you get.  
12 If you ship the 24 inch, you might only get 400  
13 feet of pipe on a flatbed.

14 Q. Again, I know there's no definitive  
15 answer, but what were the general markets for A/C  
16 pipe for the Van Buren plant and for the Ragland  
17 plant?

18 A. Well, the general market would be  
19 municipal water systems and rural water systems.  
20 And the predominant size for those areas would be  
21 six and eight inch pipe.

22 Q. Where typically would the shipments from  
23 Van Buren go and where typically would they go from

WILLIAM PERRELL - 11/19/2012

1 Ragland?

2 A. Well, Ragland we would keep our pipe in  
3 the Southeast and Northeast. We would keep -- try  
4 to keep it this side of the Mississippi River.  
5 Again, this is after 1970 when we had our Arkansas  
6 plant in operation. We would ship the Van Buren,  
7 Arkansas plant all the way to the West Coast.

8 Q. Did CAPCO use any type of storage yards  
9 or what we might think of as distributorship to  
10 stockpile pipe from which sales could be made?

11 A. There were storage yards. For instance,  
12 Houston, Texas is one of the largest users of  
13 asbestos cement pipe in the United States. It  
14 would be not unusual to have a 10 million or 12  
15 million dollar market, total market in Houston,  
16 Texas. Well, CertainTeed had a plant in Hillsboro,  
17 Texas. Johns-Manville had a plant in Denison,  
18 Texas, overnight delivery. In order to compete  
19 with that we established a storage yard in Atlas  
20 Truck Company's yard in Houston where we could  
21 stockpile X number of truckloads of pipe and have a  
22 half a day delivery if necessary right in the  
23 city. To compete and make our -- we sold through



WILLIAM PERRELL - 11/19/2012

1 were the main yards. Just so, again, where we had  
2 to compete with local manufacturers to compete with  
3 their delivery time.

4 Q. So what kind of customers would CAPCO  
5 sell its asbestos cement pipe to?

6 A. Well, we had really three categories.  
7 There are specialty contractors that work only  
8 installing pipe. They might install water pipe,  
9 sewer pipe, gas pipe. But they had trenching  
10 equipment that was just geared for digging a hole  
11 in the ground and putting pipe in it. They're  
12 pipeline contractors. We would sell directly to  
13 pipeline contractors.

14 We would also sell -- we sold through  
15 distribution. We were limited with our sales staff  
16 of at the most 18 men, where Johns-Manville could  
17 have as many as 150 to 200 salesmen. They sold  
18 mostly on a direct basis. We sold through  
19 distribution. So we would sell through  
20 distributors and pay them a commission.

21 And then there were also direct sales  
22 that we would -- for instance, the City of San  
23 Antonio would take annual bids of 6 or 700,000 feet

WILLIAM PERRELL - 11/19/2012

1 of pipe. We would turn in a direct bid to that. A  
2 lot of cities would take direct bids. So we had  
3 direct sales to end users. And we had sales to  
4 distributors, and we had sales to pipeline  
5 contractors.

6 Q. Let me follow up on this in just a  
7 second. But just to go back for a second to the  
8 storage yards. When pipe was in a storage yard and  
9 a sale was made from there, how did that process  
10 work?

11 A. It would originate in the sales office  
12 in Birmingham. And we would fax an order to the  
13 storage yard of what the customer required and when  
14 the delivery time was. And he in turn would ship  
15 the pipe out and send information back to us that  
16 it had shipped and arrived as scheduled. And these  
17 trucking companies were -- they liked the shipping  
18 business. They got the -- not only pay for storing  
19 the pipe, but they also got the freight out of it.  
20 So they did a very good job for us. And they kept  
21 -- they inventoried it and sent us an inventory  
22 once a month.

23 Q. So by looking at CAPCO sales records --

WILLIAM PERRELL - 11/19/2012

1 I'm going to get into that in a minute -- but by  
2 looking at CAPCO sales records, could you  
3 necessarily tell whether a shipment of pipe had  
4 left, say, Van Buren as opposed to one of the  
5 stockyards in California?

6 A. Yes, they would be identified by a code,  
7 whether it was outlying inventory or Van Buren or  
8 Ragland.

9 Q. But ultimately the sales were all made  
10 through the Birmingham sales office, correct?

11 A. Yes. And there were a few years where  
12 we had a separate sales office in Van Buren,  
13 Arkansas, which we later moved across the river to  
14 Fort Smith that handled just the West Coast. But  
15 it would be the same procedure coming out of the  
16 Fort Smith or Van Buren office as it would out of  
17 the Birmingham headquarters.

18 Q. What type sales records did CAPCO  
19 maintain?

20 A. Well, we -- by customer it would be  
21 broken down by distributor or by contractor or  
22 direct sales, the pipe sold, how much it sold for,  
23 where it shipped to, what plant it came out of.

WILLIAM PERRELL - 11/19/2012

1 Q. I'm going to show you, Bill, what we've  
2 marked as Exhibits 9, 10 and 11 and ask if you  
3 could identify what those are for us.

4 A. Yeah, this was a sales card that was  
5 used in the Birmingham office. And it would  
6 identify the dollar amount of pipe that was  
7 shipped. In this particular case this went to a  
8 Duling Construction Company in Wichita, Kansas.

9 Q. And which one is that? That's 9?

10 A. 9, yes. It would show the date, the  
11 date it was shipped and billed, how much was  
12 billed. It would give a code, a reference number,  
13 and it would also show when a customer paid for  
14 that pipe. And this one here just goes from March  
15 of '83 on down to -- it's out of the page, but down  
16 to 1984. So it was called the sales card. And  
17 there's one for every customer.

18 Q. How long did CAPCO use the sales card  
19 system to track its sales?

20 A. We started this -- from 1965 we started  
21 using the sales cards until a point in the '70s  
22 where we transferred over to the computer.

23 Q. You said '70s. Would '87 be --

WILLIAM PERRELL - 11/19/2012

1           A.       Yeah, I guess it was '87, yeah. I  
2 thought it was '78. But '87.

3           Q.       Let's just -- how are we doing on time?  
4 Are you doing all right?

5           A.       I'm fine.

6           Q.       Let's just move forward for one minute.  
7 I've got to show you one of --

8                    There's two volumes here that we marked  
9 as Exhibits 1D and 1E. And I'll represent that  
10 these are printouts of what was CAPCO's  
11 computerized sales database, which started at least  
12 in terms of being reliable in 1987. And the data  
13 in 1D and 1E are part of the data contained in the  
14 entire A/C sales database which we've marked as 1F.

15                   Bill, this is a -- this particular  
16 binder here shows sales between '87 to '94 for all  
17 of the customers and is organized in terms of where  
18 the pipe was shipped to.

19                   Can you verify that that's an accurate  
20 statement?

21           A.       Yes, it would show -- it will show --  
22 these were the local distributor here in Alabama.  
23 This is Alabama Water Works. And it shows shipped

1 to the City of Pelham, the invoice date, the  
2 weight, and the dollar amount.

3 Q. And roughly how do the dollar amounts  
4 correspond to quantity of pipe?

5 A. Well, these right here, these are all  
6 what would be referred to in the trucking industry  
7 as LTL, less than truckload. I noticed these  
8 dollar amounts are pretty small here. These had to  
9 be Water Works supply house, for instance, the City  
10 of Pelham is a few miles from Birmingham here.  
11 Looks like \$525. They had to have maybe some  
12 gaskets or may have had to have some couplings for  
13 two or three joints of pipe. These are not typical  
14 -- this is not a typical example really because  
15 this just shows a few hundred dollars shipments to  
16 the City of Pelham.

17 Q. Could you just find a page someplace  
18 where --

19 A. Let's go to --

20 Q. -- there's maybe something other than  
21 LTL?

22 A. Yeah, let's go to Arkansas and see if we  
23 can find -- for instance, here is again LTL. This

1 is called customer pickup. So this is a customer  
2 in Arkansas that came by the plant because it would  
3 be less than a truckload. So he picked up this.  
4 Here is the town of Mulgo [sic], Arkansas, \$7,000.  
5 That's a truckload of pipe.

6 Q. And about how much pipe would that  
7 \$7,000 equate to?

8 A. Well, this particular -- it would be  
9 either one truckload of six inch or one truckload  
10 of eight inch.

11 Q. And the computerized database, you  
12 talked about the three categories of customers. By  
13 extracting data from the computerized database, is  
14 it accurate that we can tell if we sort it by  
15 "shipped to" address, we can essentially tell where  
16 the pipe went?

17 A. Yes.

18 Q. And there's other ways to sort this data  
19 as well, correct?

20 A. Yes.

21 Q. You can sort it by "sold to"?

22 A. Sold to.

23 Q. And if you sort it by sold to, does that

1 necessarily tell you where the pipe was used?

2 A. Not necessarily. For instance here, the  
3 Groeniger and Company. Groeniger was one of our  
4 large distributors in northern California,  
5 Hayward, California, just South of Oakland. Here  
6 is \$12,000.

7 Now, this particular one, it went right  
8 to the job site. It went to Winter Estates in  
9 Menifee, California. The one above it went to the  
10 dealer's yard. So we would know whether it goes  
11 direct to the distributor's yard or direct to the  
12 job site.

13 Q. Okay. So when we sort it and this is  
14 sorted by state and then by shipped to address.  
15 When we sort it in this fashion we get a pretty  
16 good idea from most sales as to where the pipe was  
17 actually used; is that right?

18 A. That's right. Yes, that's right.

19 Q. And I'm going to show you Exhibit 1G.  
20 And this is the fields in the computerized  
21 database. Can you just confirm that those are the  
22 fields and there are different ways to sort the  
23 data and extract the data?



WILLIAM PERRELL - 11/19/2012

1 to the database -- the customer cards which were  
2 used from '65 to '87, if we compare it to the  
3 database that was used from '87 to '94, the  
4 customer cards more closely equate to the "sold to"  
5 field in the database, correct?

6 A. Yes.

7 Q. So as part of the project that we did  
8 over the course of -- you and our firm did during  
9 the '90s and then completed over the last couple  
10 years, did we take information from the customer  
11 cards, put it into charts, and then make best  
12 efforts through your knowledge and looking at  
13 documents to sort from those customer cards whether  
14 they were -- which of the three categories of  
15 customers were involved in the sales?

16 A. Yeah. We identified every one of them.  
17 And you sent me those, and I identified whether  
18 they were a distributor, whether they were a  
19 contractor, or a direct sale. And it wasn't that  
20 difficult to do.

21 Q. Why is that?

22 A. Well, I would know. Most of them I  
23 recognize. But I would know if it's shipped to a

WILLIAM PERRELL - 11/19/2012

1 municipality it was a direct sale. If it's shipped  
2 to a contractor, it was a contractor sale. Or if I  
3 recognized the distributors, it was a distributor  
4 sale.

5 Q. So let's take a look. We're going to  
6 look at CAPCO Sales Volume One which is Exhibit  
7 1B. And I've just opened it up to the first page  
8 of the Arkansas sales. CAPCO A/C sales to  
9 Arkansas. And this is for Jake's benefit being an  
10 Arkansas boy.

11 Can you tell us what's on this page, the  
12 different categories and columns?

13 A. All right. Well, it tells us just what  
14 we were talking about. For instance, this is CAPCO  
15 sales to Arkansas, the first sheet here. And you  
16 can go down -- you go right down the list here.  
17 Ace Supply Company, that's a distributor sale.  
18 City of Alma, bid directly to the City. Sold it  
19 right to the City of Alma, Arkansas. Arkansas  
20 Meter, distributor sale. Arkansas Water Utilities,  
21 distributor sales, a direct sale. So we would  
22 know by -- this is what -- when you sent me all  
23 this information, this is what I did. I went down

WILLIAM PERRELL - 11/19/2012

1 through every sheet and identified the customer by  
2 what type of customer they were.

3 Q. So if it's a direct sale, does that give  
4 us a good idea of where the pipe was used?

5 A. Yes.

6 Q. Why is that?

7 A. Because it would be delivered right to  
8 the job site. For instance, here the City of Alma  
9 bought pipe. It went to Alma, Arkansas. Arkansas  
10 Community Development, well, that's a resort  
11 community. They would take the pipe right to the  
12 resort community when they expanded into another  
13 area.

14 Q. And if it's a distributor, pick one out  
15 there. Would that necessarily tell us where the  
16 pipe was used?

17 A. Not necessarily. This one is Arkansas  
18 Water Utilities. All right. It shipped from Van  
19 Buren. It shipped at \$135,000, the periods from  
20 '79 to '81. It may not tell us where that pipe  
21 went. And a lot of times these distributors are no  
22 longer around.

23 Q. Would you expect, even if it doesn't

WILLIAM PERRELL - 11/19/2012

1 tell us precisely where the pipe was used, that it  
2 would have been used somewhere in the near vicinity  
3 of that customer or not necessarily?

4 A. Yes, it would. For instance, where we  
5 would not tell the distributor his boundaries, but  
6 for instance, we would not let a distributor in  
7 Little Rock come up and sell a job in Fort Smith  
8 where we had a distributor. And in the same turn  
9 we would not let our Fort Smith distributor go to  
10 Little Rock. So they would stay in a two to four  
11 hundred mile radius.

12 We would not let the Tulsa contractor or  
13 distributor come over to Arkansas. But we would  
14 not let the Fort Smith guy go to Tulsa.

15 Q. The third category, the contractors, the  
16 pipeline contractors, if there's a sale to a  
17 contractor, would that necessarily tell you where  
18 the pipe was used?

19 A. Let's find one.

20 Yes. Benning Construction Company, he's  
21 in Sheridan, Arkansas. He -- again, a pipe  
22 contractor. He would stay in the vicinity of --  
23 well, Sheridan is not that far. Benning lived in

WILLIAM PERRELL - 11/19/2012

1 Fort Smith. I know him. But it doesn't mean that  
2 pipe went to Sheridan.

3 MR. MEYER: Let's take a break for a  
4 moment.

5 THE VIDEOGRAPHER: This marks the end of  
6 tape number one in the deposition of Bill  
7 Perrell. We're off the record at 3:49 p.m.

8 (Off the record.)

9 THE VIDEOGRAPHER: This marks the  
10 beginning of videotape number two in the  
11 deposition of William Perrell. We're back on  
12 the record. The time is 4:07 p.m.

13 MR. NEWTON: Has anyone else joined on  
14 the call while we were on the break?

15 MR. Esserman: It's just me on the call.  
16 Thanks. No one else joined.

17 BY MR. MEYER:

18 Q. Okay. Bill, a couple housekeeping  
19 things I want to get back to in a minute.

20 But we were talking about Exhibits 1B  
21 and 1C, the CAPCO Sales Volumes. And I just wanted  
22 to sort of finish up on that front before I do  
23 housekeeping and move on.



1 had to have come from Ragland?

2 A. Yes, it had to come out of Ragland.

3 Q. And then we talked about these codes.

4 And Exhibit 12 here is a list of the codes that are  
5 on the customer cards. Is it accurate that if we  
6 look here at Exhibit 9, which is the customer card  
7 for Duling, D-U-L-I-N-G, Construction Company under  
8 the column Reference Code, for instance, the first  
9 one we see, 48421. That's a reference code,  
10 correct?

11 A. Yes.

12 Q. And the important part of that code for  
13 source reasons anyway are the last two digits, 21?

14 A. Right.

15 Q. And if we look at Exhibit 12 which is  
16 the list of the customer codes that we've been able  
17 to identify, what does 21 show?

18 A. It would show it came out of Van Buren,  
19 Arkansas.

20 Q. Okay. So --

21 A. And that it was A/C pipe.

22 Q. Okay. So we know at least from that  
23 entry with the code of 21, it was A/C pipe from Van

WILLIAM PERRELL - 11/19/2012

1 Buren. So we've tracked those A/C sales also in  
2 this Exhibits 1B and 1C. And we've put where they  
3 came from if we could tell from the codes. But  
4 then there are certain codes that we just couldn't  
5 figure out, neither you when we worked on this  
6 project in the '90s or more recently when we -- at  
7 the request of the trustees completed this  
8 project. There were just some codes we couldn't  
9 figure out, correct?

10 A. Yes.

11 Q. And so we tracked those as just unknown  
12 sales. And we have -- the last three columns on  
13 these charts in Exhibits 1B and 1C are those  
14 unknown sales, which could be PVC or A/C. We just  
15 don't know; fair enough?

16 A. Chances are they're PVC.

17 Q. Okay. So that is the process and the  
18 explanation for the charts that are in Exhibits 1B  
19 and 1C. And then at the end of each state we also  
20 include printouts from the database, and these are  
21 organized by the "sold to" fields. So this is the  
22 company to which it was sold, which doesn't  
23 necessarily correlate to where it was used,

WILLIAM PERRELL - 11/19/2012

1 correct?

2 A. Right.

3 Q. Okay. So that's I think a pretty  
4 complete explanation of the CAPCO Sales Volumes 1  
5 and 2, Exhibits 1B and 1C.

6 All right. So that was our project in  
7 terms of getting together sales information and  
8 making our best effort to identify where pipe was  
9 used when we could, correct?

10 A. Yes.

11 Q. Now, just to go back on a couple of  
12 things that I just want to make sure we covered. I  
13 think some we did and maybe some we didn't. But  
14 when you told us your background with CAPCO I think  
15 you failed to mention that you did hold board  
16 positions and director positions?

17 A. Yes. After retirement. When I retired  
18 -- well, let me set it back up. When I left --  
19 when CAPCO sold -- let's start there. When CAPCO  
20 sold, I was asked to remain with ASARCO. And,  
21 again, I did that from '94 until February '97 when  
22 I retired. At that time they asked me to continue  
23 on in a consulting capacity with them to help on

WILLIAM PERRELL - 11/19/2012

1 the asbestos issues. And I was given a -- as the  
2 sole director of CAPCO, we had a one-man company  
3 and one man -- I was president. I was sole  
4 director and secretary all rolled into one from '94  
5 when the company sold until '09, December '09, when  
6 ASARCO sold.

7 Q. So you were the sole board member and  
8 officer?

9 A. Yes.

10 Q. We also talked about storage yards and  
11 you talked about California, and you talked about  
12 --

13 MR. MEYER: Sandy, is that you joining  
14 us again?

15 MR. Esserman: Yes, sorry.

16 MR. MEYER: No problem.

17 BY MR. MEYER:

18 Q. We talked about the storage yards in  
19 California and Houston. What was in Phoenix,  
20 Arizona?

21 A. Phoenix, Arizona was a yard, storage  
22 yard, run by a man named Jim Dusanko. Jim Dusanko  
23 had a history of plumbing from the Crane Plumbing

WILLIAM PERRELL - 11/19/2012

1 Supply which took him out to Phoenix early on. And  
2 he established a water works supply house, later on  
3 sold that water works supply house, and at that  
4 time established a storage yard. And this is right  
5 when we were going into business expanding into the  
6 Arizona market. And we established Jim as a yard  
7 manager and he was not a distributor because he  
8 only sold pipe. He was a pipe man. And what Jim  
9 would do is a little different than our other  
10 storage yards. Jim would buy pipe from us for  
11 resale, but he would also manage the yard and ship  
12 pipe just like our other storage yards would do and  
13 then turn in the data to the Birmingham office once  
14 a month. But Jim did also buy and inventory his  
15 own CAPCO pipe.

16 Q. Okay. And do you recall when that  
17 facility was --

18 A. By the way, he was an exclusive. He's  
19 the only market outlet that we did have in Arizona.

20 Q. Do you recall the approximate years he  
21 was there?

22 A. Yes. It was in the 1970s when Van Buren  
23 really got kicked off, and we opened up that

WILLIAM PERRELL - 11/19/2012

1 market. And until -- until Jim -- we kept the yard  
2 operating until Jim passed away. But it was prior  
3 to our shutting the plant down. I'm going to say  
4 Jim may have died in '88 or '89. And at that time  
5 the operation shut down, and a local distributor  
6 took over the operation of selling our pipe until  
7 we closed the Van Buren plant.

8 Q. So let's talk a little bit -- we talked  
9 something about pipe length and the content of the  
10 pipe. What -- was the same process used at Van  
11 Buren as was used at Ragland to manufacture pipe?

12 A. Basically it was the same process as far  
13 as making the pipe. However, the difference was in  
14 Ragland we used what's called the wet process. And  
15 that would mean we would inject the water into the  
16 mixture of cement, fiber, and silica into a mixing  
17 basin. And then it would hold several hundred  
18 gallons of product mix. And then it would be  
19 transported from the mixing basin to the felt to  
20 the pipe machine. That's called the wet process.

21 The problem there was if you had a  
22 breakdown in the pipe machine, you had three or  
23 four hundred gallons of pipe mix that would be

WILLIAM PERRELL - 11/19/2012

1 wasted. So you had a problem there.

2           So when we built the Van Buren, Arkansas  
3 plant we used what was called the dry mix. And  
4 this is that the fiber and the silica and the  
5 cement were premixed in vats and introduced with  
6 water right at the pipe machine. So you would have  
7 probably zero loss if there was a failure or if  
8 felt tore or the pipe machine malfunctioned. So  
9 those were the two differences.

10           The same end product was the same. It  
11 was the same asbestos cement pipe product at the  
12 end, but it was just the mixing process at the  
13 start of the pipe machine that was different.

14           Q.       Okay. And we talked about the fact that  
15 CAPCO pipe was made in 13 foot lengths. Could a  
16 customer buy shorter lengths?

17           A.       Yes. With every shipment we would  
18 produce what was called "includes." And that would  
19 be a half length, which was six foot six inches, a  
20 quarter length, which was three foot three inches.  
21 And occasionally we would furnish what would be  
22 called a pup, which would be called 19 inches.

23                    What would happen with every truckload

WILLIAM PERRELL - 11/19/2012

1 of pipe, we would offer up to three percent of the  
2 footage in includes. And that would be the six  
3 foot sixes and three foot threes. And if they  
4 wanted the shorter pup -- few people wanted it, but  
5 we would make it available. But a standard include  
6 would be one and a half percent of six sixes and  
7 one and a half percent of three foot three inches.

8           Now, that would go at the foot price the  
9 pipe was sold at. Now, if a customer wanted  
10 additional shorts -- and the contractors loved the  
11 shorts -- they wanted them all the time. With the  
12 solid good customer we would let them have up to  
13 five percent at the foot price of the pipe.  
14 Otherwise, we would charge a premium price for any  
15 extra short pieces or includes. But they were  
16 necessary on every job where you would make a turn,  
17 you could make a radius turn easier with short  
18 lengths. And you could come up to a particular  
19 site where you might want to set a fire hydrant  
20 that had to be on a property line. You could plan  
21 ahead and you could come up with shorts. And the  
22 more shorts that you could offer, the less field  
23 cutting that would have to take place.

WILLIAM PERRELL - 11/19/2012

1 Q. How were the shorts -- let's talk about  
2 all the pipes that were sold. How were they  
3 milled?

4 A. They were milled in the plant. And  
5 there was a three-step. We would call it a nose.  
6 There would be a one, two, three step. And the  
7 steps were to -- when you put the coupling on, the  
8 coupling gasket that we applied in the factory  
9 would hit the second shoulder. And the reason for  
10 that is when they established it in the field, when  
11 they assembled the pipe in the field, the pipe ends  
12 would remain about a quarter inch apart, so any  
13 deflection of the pipe ends would not touch each  
14 other and hit or chip.

15 Q. And what about the shorter lengths, how  
16 were they milled?

17 A. The short lengths, the six foot six  
18 machine each end and the three foot three machine  
19 each end were machined identical to the pipe end.  
20 We also furnished a six foot six and a three foot  
21 three MOA, which is called machined over-all, and  
22 that would be the end dimension. The D2 as we  
23 would call it, the end dimension that would go into

WILLIAM PERRELL - 11/19/2012

1 the gasket. So a contractor could use those in the  
2 field for making any particular size he wanted. If  
3 he wanted to -- if he needed an eight inch or 18  
4 inch or 14 inch, he could cut it in the field and  
5 put a bevel on it and use it as a MOA.

6 Q. And what is MEE?

7 A. Machined each end. That would be -- it  
8 would be a short piece of pipe with the same pipe  
9 end but no coupling attached.

10 Q. We talked about the pipe diameters and  
11 I'm just not sure we covered all the diameters and  
12 in particular the largest and the smallest. So  
13 could you run through that again for us what the  
14 various pipe diameters were for both the pressure  
15 and the --

16 A. Okay. For the Ragland plant we  
17 manufactured four inch, six inch, eight inch, ten  
18 inch, 12 inch, 14 inch, and 16 inch, in class 100,  
19 150, and 200 pressure pipe. And we manufactured  
20 the same sizes in class 2400, 3300, and 4,000 and  
21 5,000 sewer pipe.

22 Now, in Van Buren we manufactured in  
23 pressure pipe those identical sizes, but we would

WILLIAM PERRELL - 11/19/2012

1 add the 18 inch, 20 inch, and 24 inch pressure pipe  
2 in the class 100, 150 and 200, as well as sewer  
3 pipe in the classes 2400, 3300, 4,000 and 5,000.

4 Now, in addition, when we produced T  
5 pipe, the T pipe specifications included in  
6 addition to those standard sizes there you would  
7 get a 20 inch size T pipe, but most of the T pipe  
8 would go in the 16, 18, 20 inch and maybe 24 inch  
9 dimensions. But they did have different diameters  
10 for the transmission pipe.

11 Q. So the maximum diameter was 24 inches?

12 A. Yes, that was the maximum we produced.

13 Q. Was there also a 21 inch pipe?

14 A. There was a 20-inch transmission pipe  
15 but not in -- not in a distribution pressure pipe.  
16 Only, only in transmission pipe, what we called T  
17 pipe.

18 MR. NEWTON: Bill, did CAPCO manufacture  
19 a 15 inch?

20 THE WITNESS: A 15 inch T pipe.

21 MR. NEWTON: And a 21 inch?

22 THE WITNESS: And a 21 inch. Just the  
23 odd sizes that were designed by the Bureau

WILLIAM PERRELL - 11/19/2012

1 Reclamation. And they did that just so they  
2 could take advantage of every particular size.  
3 They didn't want to waste any diameter. They  
4 didn't want to buy a 16 if they could get by  
5 with a 15, or a 24 if they could get by with a  
6 21.

7 MR. NEWTON: The government is concerned  
8 with wasteful spending?

9 THE WITNESS: The Bureau of Reclamation  
10 was very concerned. I wish all the agencies  
11 would operate like that. They really were  
12 watching the dollar.

13 MR. NEWTON: I would expect no less.

14 THE WITNESS: That's right.

15 Q. So if someone were to say they were  
16 exposed to pipe, asbestos cement pipe, in some  
17 fashion that was -- had a diameter greater than 24  
18 inches that would be some other than pipe  
19 manufactured by CAPCO?

20 A. It was someone else's pipe. We could  
21 not produce it.

22 MR. NEWTON: Along the same lines, Bill,  
23 the smaller pipe, CAPCO could make a four inch

WILLIAM PERRELL - 11/19/2012

1 pressure pipe; is that correct?

2 THE WITNESS: Yes.

3 MR. NEWTON: But not four inch sewer  
4 pipe?

5 THE WITNESS: We made four inch pressure  
6 pipe. We could not make four inch sewer pipe.

7 BY MR. MEYER:

8 Q. Let's talk about -- we covered this some  
9 already -- but the geographic markets to which  
10 CAPCO sold. And it seems from the 1B and 1C that  
11 CAPCO at least at one time or another had sales to  
12 all 50 states, correct?

13 A. Yes.

14 Q. But certainly some markets had -- were  
15 bigger markets for CAPCO than others?

16 A. Yes, they were.

17 Q. Can you give us an idea what the biggest  
18 CAPCO markets were?

19 A. Well, our biggest market was Texas.  
20 California was a very large market. Most of the  
21 Southwestern states. Colorado was a big market.  
22 Kansas was not a very big market for us.  
23 Mississippi early on in Ragland years was a big A/C

WILLIAM PERRELL - 11/19/2012

1 pipe market. Florida was a large A/C pipe market.  
2 North Carolina, some South Carolina, North Carolina  
3 was bigger than South Carolina. But it was mostly  
4 the -- the really big markets were west of the  
5 Mississippi River.

6 Q. What about Hawaii? Were there sales to  
7 Hawaii?

8 A. We had a few shipments to Hawaii. They  
9 would go by barge out of Oakland, California, maybe  
10 out of Long Beach. But most of them went out of  
11 Oakland. We had one distributor in Hawaii. And  
12 there were not that many sales over there. The  
13 freight -- it was just too high. The West Coast  
14 manufacturers had a -- really had a leg up on us  
15 where they could ship from California to Hawaii,  
16 and we had to start at Arkansas. It just wasn't  
17 that big a market for us.

18 Q. What were some of the other smaller  
19 markets?

20 A. Well, we shipped a little bit of pipe to  
21 Alaska. New England was a small market. New York  
22 was a small market. There were some markets around  
23 the Buffalo area that were predominantly A/C pipe,

WILLIAM PERRELL - 11/19/2012

1 but it was still the smaller market for us.  
2 Louisiana was a relatively small market because  
3 Johns-Manville had a plant in Marrero which is near  
4 New Orleans. Oklahoma was a pretty significant  
5 market for us. And all the records would reflect  
6 where our big sales were. But the Southwest and  
7 the West Coast were really where the A/C markets  
8 were.

9 Q. Were there certain cities to which CAPCO  
10 never had sales?

11 A. Yes.

12 Q. Could you give us some examples of  
13 those?

14 A. Yeah, we know those more than we know  
15 where we sold. For instance, we're sitting right  
16 here in Birmingham, Alabama. I could have never  
17 gotten in the front door of the Birmingham Water  
18 Works. There were seven cast iron pipe  
19 manufacturers sitting right here in the Birmingham  
20 area. And they naturally catered to the Alabama  
21 products, the Birmingham products.

22 Another such market, my hometown,  
23 Memphis, Tennessee, would not use A/C pipe.

WILLIAM PERRELL - 11/19/2012

1 Knoxville, Tennessee used A/C pipe. Nashville,  
2 Tennessee did not use A/C pipe. Paducah, Kentucky  
3 did. Louisville, Kentucky did not use A/C pipe.  
4 Jackson, Mississippi would not use A/C pipe.  
5 However, we surrounded Jackson with hundreds and  
6 hundreds of miles of A/C pipe all over  
7 Mississippi. New Orleans was -- again, it wasn't a  
8 big market for us because of the Johns-Manville  
9 Marrero plant, but New Orleans was a very big A/C  
10 pipe market. Monroe, Louisiana was an A/C pipe  
11 market.

12 But the ones -- San Francisco would not  
13 use A/C pipe. Oakland across the bay was a big A/C  
14 pipe user. So you get -- Dallas used a lot of A/C  
15 pipe. Fort Worth would not use A/C pipe. So it's  
16 where they -- I guess historically where their  
17 water superintendent -- how he grew up around the  
18 pipe or what iron company marketed their pipe in  
19 there years earlier. El Paso used a lot of A/C  
20 pipe. Albuquerque used a lot of A/C pipe.

21 Q. What about New York City?

22 A. New York City did not use A/C pipe.

23 However, Long Island used A/C pipe.

WILLIAM PERRELL - 11/19/2012

1 Q. How about Atlanta?

2 A. Atlanta used only iron pipe, but  
3 surrounding areas of Georgia was A/C.

4 Q. Boston?

5 A. Boston was iron pipe. But, again, the  
6 surrounding bedroom communities of Boston would use  
7 A/C pipe. But it really went with your older  
8 bigger cities that grew up using the cast iron and  
9 later on the ductal iron that we were like a  
10 stepchild.

11 Q. How about Fayetteville, North Little  
12 Rock, and west Memphis?

13 A. Well, west Memphis followed the  
14 specifications of Memphis, and they did not use A/C  
15 pipe. However, you went ten miles in any direction  
16 out of west Memphis, either west or south or north,  
17 and you would sell A/C pipe. Forrest City,  
18 Arkansas, not far from there, A/C pipe.

19 Q. And Fayetteville and North Little Rock?

20 A. Fayetteville, Little Rock and North  
21 Little Rock would not use A/C pipe. But, again,  
22 you go 20 miles either way and the communities  
23 would use A/C pipe. Fayetteville was the same

1 way. In fact, Fort Smith did not use A/C pipe  
2 until we put a plant across the river. And then  
3 they opened up their specifications for us, as did  
4 Van Buren.

5 And by having a plant in the state you  
6 open up a lot of doors. We had an engineer that  
7 did most of the water works in Arkansas by the name  
8 of Pete Smith who would not approve our pipe. He  
9 approved JM. And he designed a lot of water  
10 systems. And it took us putting a plant in the  
11 state to break him, and we ended up putting pipe on  
12 his jobs.

13 Q. Did you over the years either as a CAPCO  
14 employee or as a CAPCO consultant assist our firm  
15 in defending CAPCO in an -- in  
16 asbestos-related product --

17 A. I missed a couple words there. Go ahead  
18 again.

19 Q. You bet. Did you either in your years  
20 as a CAPCO employee or subsequently as a CAPCO  
21 consultant assist our firm in obtaining information  
22 so that we could defend CAPCO in asbestos product  
23 liability suits?

WILLIAM PERRELL - 11/19/2012

1           A.       Yes, both as a CAPCO employee and later  
2 on as a consultant.

3           Q.       And from time to time did we call on you  
4 to try and determine whether someone's claim of  
5 exposure to dust from CAPCO pipe made sense or  
6 didn't make sense?

7           A.       Yes.

8           Q.       And did you from time to time complete  
9 affidavits on behalf of CAPCO which we then used in  
10 litigation in an effort to document that exposure  
11 of the claimed person couldn't possibly have  
12 happened?

13          A.       I did a lot of affidavits for your firm.

14          Q.       I'm going to show you what we've marked  
15 today as Exhibits 5, 6, and 7, I think. Am I right  
16 in the markings?

17          A.       Yes.

18          Q.       Are those examples of the types of  
19 affidavits that you signed from time to time?

20          A.       Yes.

21          Q.       And the first one, 5, which is a case  
22 called John Bink, B-I-N-K, versus Acme Insulation.  
23 Is that an affidavit that in essence the plaintiff

WILLIAM PERRELL - 11/19/2012

1 was claiming exposure to certain pipe related  
2 products but not asbestos cement pipe so you were  
3 able to conclude he couldn't have been exposed to  
4 any dust from CAPCO pipes?

5 A. Yes.

6 Q. And then the second one, Exhibit C,  
7 which is the Dennis Barr, B-A-R-R, case.

8 MR. NEWTON: Exhibit 6.

9 Q. I'm sorry. 6. Did I say 6?

10 A. 6.

11 Q. That's an affidavit where you were able  
12 to draw from your personal knowledge and review of  
13 CAPCO sales records to conclude that Mr. Barr could  
14 not have been exposed to the CAPCO pipe because of  
15 where he worked and the nature of the work that he  
16 did?

17 A. Yes.

18 Q. And, similarly, Exhibit 7 which is  
19 Hilsenbeck, H-I-L-S-E-N-B-E-C-K, Chris Hilsenbeck  
20 is the plaintiff. That too is an affidavit you  
21 signed which from your personal knowledge and  
22 review of records by counsel, that being our firm,  
23 we were jointly able to conclude that sales would

WILLIAM PERRELL - 11/19/2012

1 not have been made to the areas where  
2 Mr. Hilsenbeck claimed to have worked, correct?

3 A. Yes, that's right.

4 Q. And that's something you did from time  
5 to time and maybe more often than time to time?

6 A. Yeah, we did quite a few.

7 Q. Okay. Let's talk about -- along the  
8 same lines -- the types of work sites where CAPCO  
9 pipe would have been used. And we talked about  
10 that some in talking about the specialty pipeline  
11 contractors and stuff. Could you just elaborate on  
12 that? Where would CAPCO pipe have been used?

13 A. Well, it would be used in  
14 municipalities. For instance, San Antonio was a  
15 big user of A/C pipe and also Houston. And, in  
16 fact, they would argue back and forth who maybe had  
17 the largest system in the ground of A/C pipe. But  
18 you would have rural water areas. For instance, we  
19 would put a job in Nebraska where we may have put  
20 50 miles of pipe in the ground on a project where  
21 these people never had water before. They all had  
22 wells. They had big expensive homes, but they had  
23 to have their own well, and they were having bad

WILLIAM PERRELL - 11/19/2012

1 water. So this is going back to the Farmers Home  
2 Administration. They would finance water systems,  
3 county-wide water systems. Sometimes there would  
4 be 100 or 200 miles of pipe in these, and these  
5 people welcomed you. And they would even let you  
6 cross their property. They weren't worried if you  
7 tore down a fence while you were laying pipe in the  
8 ground. They wanted fresh water.

9 But it was two markets, the rural water  
10 area and the municipal market. They were our  
11 primary market areas.

12 Q. And what types of companies or entities  
13 would have actually put that pipe in the ground?

14 A. Well, again, they would be pipeline  
15 contractors. They could be very large contractors  
16 that would be able to bid the 50 mile and 100 mile  
17 jobs. And they specialized, again, in just  
18 underground pipeline work. That's all their  
19 equipment were capable of doing. They weren't  
20 building skyscrapers or building homes. They were  
21 putting -- digging a hole and putting pipe in the  
22 ground. And also the -- you would have small  
23 contractors that would bid the little \$50,000 job

WILLIAM PERRELL - 11/19/2012

1 where there would be 10,000 foot of pipe or 2,000  
2 foot of pipe that they would -- that they would bid  
3 and they may be operating with one piece of  
4 equipment where your big contractors -- Jim Everly  
5 in Garden City, Kansas. He could bid multimillion  
6 dollar jobs. He would have maybe ten trenchers,  
7 where the guy down the road might have one backhoe  
8 and he bid a small job.

9 Q. So you anticipated my next question.  
10 But just for the sake of the record, what type of  
11 equipment would be used to lay the pipe?

12 A. Well, the -- I guess the best piece of  
13 equipment would be a wheel type trencher. That  
14 would be called a wheel pipe trencher, or a ladder  
15 type trencher which would be made by Vermeer  
16 Company. But, again, you would have different size  
17 backhoes that could be used. The trenchers would  
18 be more favorable because they would leave a very  
19 consistent level trench bottom where a backhoe you  
20 would have to be careful. You would have to get --  
21 after you dig it you would have to put a crew down  
22 in there with shovels to level out where you want  
23 your pipes to lay on a flat surface.

WILLIAM PERRELL - 11/19/2012

1 Q. What types of workers would have worked  
2 with CAPCO pipe, in terms of trades or, you know,  
3 type of union and that kind of thing?

4 A. Well, I'm sure some of them were union  
5 contractors. We didn't deal with many union  
6 contractors because they weren't interested in this  
7 pipeline contractor. But a lot of these were  
8 common labor. They didn't need a lot of skill.  
9 They would train -- they would train their men.  
10 Now, their backhoe operators and their machine  
11 operators were skilled people. But most of them  
12 would qualify as a common laborer that was able to  
13 handle a shovel and follow instructions and level  
14 the ditch bottom and get it flat. But it didn't  
15 take a lot of skill except for the machine  
16 operators and the foreman.

17 Q. So a truckload of pipe would pull up to  
18 a job site, correct? That's how the pipe would get  
19 to the job?

20 A. Yes.

21 Q. And then what would happen from the time  
22 the truck pulled up until the time the pipeline was  
23 finished? What steps would have to --

WILLIAM PERRELL - 11/19/2012

1 A. Well, there would --

2 Q. -- occur?

3 A. A couple ways. Usually, it would be  
4 unloaded by forklift and set on the trench bank.  
5 Now, every few hundred feet or 500 feet they would  
6 set a pallet or two. And then the men would take  
7 it. If it's six and eight inch pipe, they could  
8 manhandle it. Ten and above they would use a  
9 backhoe with a cable to lower it into the ditch.  
10 There would be two men in the ditch, one on one end  
11 and one on the other end to build the pipe up. And  
12 they would lubricate the gasket and push it home.

13 But there have been cases -- for  
14 instance, Lyles Construction Company in California,  
15 they would request that we stagger the trucks to  
16 come in at certain times. They were such a big  
17 pipeline contractor they knew exactly what they  
18 were doing. They would unload right off the truck  
19 right in the trench, clockwork. And they would put  
20 maybe a mile or two miles of pipe in the ground a  
21 day.

22 Q. Now, was the process of getting the pipe  
23 on to the job, unloading it from the truck either

WILLIAM PERRELL - 11/19/2012

1 on to the ground or into the ditch, was there  
2 anything about that that generated dust from the  
3 pipe itself?

4 A. No.

5 Q. Is A/C pipe a friable product?

6 A. No.

7 Q. Were there times when A/C pipe would  
8 have to be cut?

9 A. Yes.

10 Q. Was there a means of cutting that CAPCO  
11 recommended?

12 A. Well, there were a couple methods we  
13 recommended. One was a snap cutter or it could be  
14 referred to as a chain cutter. It would go around  
15 the pipe with the chain with small disks on it.  
16 And pressure would be applied and would just snap,  
17 it would snap the pipe. You would have machining  
18 tools that contractors could own, or we had  
19 machining tools that we furnished to the job site  
20 that would cut and machine a piece of pipe. I've  
21 even seen them try to use a handsaw on it. But  
22 mostly it was the snap cutters. Most contractors  
23 had a snap cutter. And the bigger ones would have

WILLIAM PERRELL - 11/19/2012

1 their own machine tooling. And, again, we would  
2 furnish machining tools on big jobs. And if they  
3 needed it, we would send a field serviceman of  
4 ours, an employee, to assist and guide them.

5 Q. The process of cutting CAPCO pipe with a  
6 snap cutter or chain cutter, was that a dusty  
7 process?

8 A. No. It would snap -- you would get  
9 chips but you would not get anything friable.

10 Q. Okay. Was the process of milling the  
11 pile or putting the pipe on a lathe on the site,  
12 was that a dusty process?

13 A. No, that was a very slow turning process  
14 that would not have any dust at all.

15 Q. Were there times, to your knowledge,  
16 when pipe would be cut in a way that would produce  
17 some dust?

18 A. Yes, there was a saw. We referred to it  
19 as a carborundum disk saw or a Skil saw, pipe saw.  
20 And contractors would use that to cut a piece of  
21 pipe in the field. We discouraged the use of these  
22 saws. Some contractors would use them, and they  
23 would apply water at the seam where they would be

1 cutting the pipe to eliminate any dust. But a  
2 Carborundum disk saw would create some dust if they  
3 used it. Like I said, we as an industry did  
4 everything we could to curtail the use of those  
5 saws.

6 Q. Would there -- was there a typical place  
7 where the pipe would be cut? In other words, would  
8 it be typically cut in the hole or on the side of  
9 the hole, on the truck, or could it be any place?

10 A. Most times it would be cut on the ditch  
11 bank right above where it's going to be installed.

12 Q. And would that cutting typically be done  
13 by a laborer or certain type trade?

14 A. It would be done by one of the  
15 contractor's employees.

16 Q. Would you expect pipefitters to be  
17 working with or around CAPCO pipe?

18 A. No.

19 Q. Why not?

20 A. Well, it would be -- they're more  
21 plumbers. There could be an occasion where a  
22 plumber might have bought pipe for a little  
23 project. But most of these people were geared just

WILLIAM PERRELL - 11/19/2012

1 to install pipe, the pipeline contractors.

2 Q. Would you expect, say, an electrician to  
3 be working with CAPCO pipe?

4 A. No.

5 Q. Reason?

6 A. Well, we didn't make the electrical  
7 duct. And that's the only way he would be involved  
8 in our pipe at all. I doubt an electrician ever  
9 touched a piece of CAPCO pipe.

10 Q. And typically plumbers would work either  
11 with the connection to a house or building or  
12 within the house or building, correct?

13 A. A plumber would take it from our pipe,  
14 from the corporation cock, to the house. And a  
15 plumber would -- they would plum the house. They  
16 do all the piping in the house.

17 Q. And so that --

18 A. That would be the small diameter copper  
19 plastic pipe.

20 Q. And that work would typically be done  
21 after the CAPCO pipe was laid and in place,  
22 correct?

23 A. Yes, even tested and in service.

WILLIAM PERRELL - 11/19/2012

1 Q. So would you expect then plumbers to  
2 have been exposed to CAPCO pipe when it was being  
3 cut?

4 A. No.

5 Q. Did CAPCO at some point in time design a  
6 product or come up with a product that was intended  
7 to reduce or eliminate the need for field cutting  
8 or machine piping -- piping, machine pipe?

9 A. Yes, it was called a "closure unit," and  
10 I developed it. It was our idea. CAPCO were the  
11 only people making it, and we offered it to the  
12 other pipe companies when cutting got so critical  
13 in the field. And we developed this where you  
14 could actually install a complete water system and  
15 never have to cut a piece of pipe in the field.

16 Q. So I'm going to show -- I'm showing you  
17 Exhibit 8 there. Could you tell us what that is,  
18 Bill?

19 A. Well, it's called a closure pipe, and we  
20 made it in three foot three lengths. We machined  
21 it back 12 inches on each end. And we furnished a  
22 12-inch coupling that would telescope. So we would  
23 telescope it on to the closure unit, and then we

WILLIAM PERRELL - 11/19/2012

1 could pull it back and telescope it on to the pipe  
2 end which would reach up to the shoulder of the  
3 pipe. Or if it was MOA we would just split the  
4 difference and go six inches on our closure unit  
5 and six inches on the MOA. It was a perfect  
6 device. It just came too late in the industry.

7 Q. When did it come about?

8 A. It came about probably 1990 when we  
9 really were doing everything we could to save the  
10 industry and try to eliminate any field cutting,  
11 and this was our answer to that.

12 Q. Now, this new product bulletin, Exhibit  
13 8, has this marking on the top --

14 A. 1987.

15 Q. '87, okay.

16 A. 1987. I said early '90s. But we tried  
17 -- and like I said, we developed this and sold  
18 quite a few of them and, like I said, offered it to  
19 the other companies.

20 Q. And this was an effort to eliminate the  
21 need to cut --

22 A. We tried to eliminate -- and you could.  
23 You could eliminate absolute total field cutting by

WILLIAM PERRELL - 11/19/2012

1 using this unit.

2 Q. And did it at least reduce -- I'm sure  
3 it probably didn't eliminate it, but did it at  
4 least reduce the need in practice?

5 A. It sure did on our jobs. Our jobs would  
6 be the only one I'm familiar with. But any time we  
7 sat in on a job we made sure our field service  
8 representatives were there and showed them how to  
9 use it. And once the contractor would get the hang  
10 of it, he loved it.

11 Q. In terms before this product was  
12 available, the CAPCO closure unit was available,  
13 how frequently on a job would it be necessary to  
14 cut a piece of pipe?

15 A. Oh, boy. Well, it would depend. Well,  
16 it would depend on the size of the job. But,  
17 again, an experienced pipeline contractor knowing  
18 he had all of these different MEEs and MOAs and  
19 PUPS available to him, he could install a system  
20 without cutting. But they may have to -- if they  
21 reached a -- the code dictated that fire hydrants  
22 be placed on a property line. And if you are  
23 laying it out fast and all of a sudden come us up

1 and say, oh my gosh, I'm at a property line, I've  
2 got to cut pipe. And they would cut pipe. But we  
3 would -- we would hold it to a minimum, and I know  
4 the contractors would too. But they did use --  
5 they did cut in the field in order to accommodate a  
6 certain designated spot to install. Usually it's a  
7 fire hydrant that they had to install at a certain  
8 place.

9 But, again, a contractor, it would slow  
10 them down. And their bread and butter is put pipe  
11 in the ground as fast as they can. So they didn't  
12 want to cut anymore than they had to.

13 MR. MEYER: Let's go off the record for  
14 a minute.

15 THE VIDEOGRAPHER: Going off the  
16 record. The time, 4:53.

17 (Off the record.)

18 THE VIDEOGRAPHER: We're back on the  
19 record. The time is 5:01 p.m.

20 BY MR. MEYER:

21 Q. Bill, just one or two more things. I  
22 think you testified that typically A/C pipe would  
23 be purchased by a water works supply company?

WILLIAM PERRELL - 11/19/2012

1 A. Yes.

2 Q. What is that? What does that do?

3 A. They were specialty companies that  
4 catered to water works supply items. They would  
5 sell pipe, and they would sell maybe several kinds  
6 of pipe. They would sell cast iron pipe, asbestos  
7 cement pipe, PVC pipe. They would sell fire  
8 hydrants. They would sell water meters. They  
9 would sell valves. Anything it takes to build a  
10 water system, they would specialize in. They would  
11 not get into anything for mechanical contractors or  
12 building contractors. They would strictly be a  
13 water works supply house.

14 Q. And would you expect a plumbing supply  
15 house to sell asbestos cement pipe?

16 A. No.

17 Q. Just one last housekeeping thing. This  
18 is more on my end. But when we took information  
19 from the sales cards and put it into the CAPCO  
20 Sales notebooks, Volumes One and Two, which are  
21 Exhibits 1B and 1C, there were certain unknown  
22 codes that we talked about earlier in your  
23 testimony and we weren't able to track down. So we

1 created a document which is Exhibit 13 which just  
2 for each unknown code identifies the years in which  
3 those codes were found.

4 And primarily the primary years were  
5 '78, '79, and '80 because, as I understand it,  
6 during those years the reference codes didn't  
7 accurately reflect necessarily the plant where the  
8 pipe was made or where it was sold to, correct?

9 A. Right.

10 Q. Okay.

11 MR. MEYER: I think that's all I have.  
12 Jake? Anything?

13 MR. NEWTON: No. That's all I've got.  
14 Sandy, got anything to add?

15 MR. ESSERMAN: No, I think it was good.

16 THE VIDEOGRAPHER: This marks the end of  
17 tape number two and concludes the deposition  
18 of William Perrell. We are off the record at  
19 5:03 p.m.

20

21 (End of deposition, 05:04 p.m.)

22

23

WILLIAM PERRELL - 11/19/2012

1 C E R T I F I C A T E

2

3 STATE OF ALABAMA )

4 JEFFERSON COUNTY )

5

6 I hereby certify that the above and  
7 foregoing deposition was taken down by me in  
8 stenotype, and the questions and answers thereto  
9 were reduced to computer print under my  
10 Supervision, and that the foregoing represents a  
11 true and correct transcript of the deposition  
12 given by said witness upon said hearing.

13 I further certify that I am neither of  
14 counsel nor of kin to the parties to the action,  
15 nor am I in anywise interested in the result of  
16 said cause.

17

18 /s/Lisa Bailey

19 Lisa Bailey, CCR #289

20 CCR #289, Expires 9/30/13

21 Commissioner for the

22 State of Alabama at Large

23 My Commission Expires: 6/2014